

1 SUPERIOR COURT OF NEW JERSEY
2 LAW DIVISION: MORRIS COUNTY
3 DOCKET NO. MRS-L-213-05

3 JANE DOE, :
4 Plaintiff, : DEPOSITION OF:
5 : RICHARD BONESTEAD,
6 vs. :
7 :
8 ANTONIO P. LORIANO, M.D., :
9 and JOHN DOES (fictitious :
10 persons whose true identities :
11 are presently unknown), and :
12 XYZ COMPANIES (fictitious :
13 persons whose true identities :
14 are presently unknown), :
15 Defendants. :
16 - - - - -

M.D.

11 TRANSCRIPT of the stenographic notes of
12 the proceedings in the above-entitled matter, as
13 taken by and before SUSAN M. STYRON, a Certified
14 Shorthand Reporter and Notary Public of the State
15 of New Jersey, held at the office of BENDIT
16 WEINSTOCK, P.A., 80 Main Street, West Orange, New
17 Jersey, on Wednesday, December 27, 2006,
18 commencing at 2:15 a.m.

19 A P P E A R A N C E S:
20
21 BENDIT WEINSTOCK, PA.
BY: PETER I. BERGE, ESQ.

22 80 Main Street
West Orange, New Jersey 07052
Attorneys for Plaintiff

23

24 PHILIP F. MATTIA & ASSOCIATES
BY: JOSEPH LAVERGHETTA, ESQ.
401 Hamburg Turnpike, Suite 205
25 Wayne, New Jersey 07470
Attorneys for Defendants

1 I N D E X

RE CROSS

2 WITNESS DIRECT CROSS REDIRECT

3 RICHARD BONESTEAD, M.D.

4 BY MR. BERGE 3

5

6

7 E X H I B I T S

PAGE

8 NUMBER DESCRIPTION

9 9 Bonestead-1 Curriculum vitae

19 10 Bonestead-2 Doctor's notes

24 11 Bonestead-3 Report 11/20/06

30 12 Bonestead-4 Dr. Miller's record

30 13 Bonestead-4A Operative report 2/5/03

32 14 Bonestead-4B Consult letter

42 15 Bonestead-5 Report 6/23/06

16

17

18

DOCUMENT REQUESTS

19 NONE OFFERED

20

NOTED OBJECTIONS

21 NONE OFFERED

22

23

24

25

1 R I C H A R D B O N E S T E A D, M.D., practicing
at Garden

2 State Orthopaedic Surgery, LLC, 100 Commerce Place,
3 Clark, New Jersey, having been duly sworn by the
4 Notary Public, testified as follows:

5 DIRECT EXAMINATION BY MR. BERGE:

6 Q Good afternoon, Dr. Bonestead.

7 A Hi.

8 Q I previously introduced myself
9 informally, but I will do so again. My name is
10 Peter Berge, I'm an attorney with the law firm of
11 Bendit Weinstock, and I represent the plaintiff
12 in this case, formerly Jane Doe, and now
13 Jane Rogers, R-O-G-E-R-S.

14 A Okay.

15 Q Since she married recently.

16 A Okay.

17 Q Have you given testimony in a
18 deposition before?

19 A Yes, I have.

20 Q About how many times?

21 A At least 100.

22 Q When was the last time?

23 A Last month, probably.

24 Q Obviously we can't, we won't take the

25 time to go through every one, but in general

Bonestead - direct

1 categories under what circumstances have you
2 testified in depositions?

3 A Medical malpractice matters, plaintiff
4 and defense. Issues in personal injuries,
5 plaintiff and defense. Issues in worker's
6 compensation.

7 Q And have you ever been a defendant in a
8 malpractice suit?

9 A I have.

10 Q How many times?

11 A Something more than five, less than ten
12 total.

13 Q In any of those suits has the plaintiff
14 prevailed?

15 A No.

16 Q Have any of those suits settled before
17 trial?

18 A Not to my knowledge. I was either

19 dropped from the suit, and then I lost track of
20 what happened to it, or if the suit came to a
21 conclusion, it was without prejudice and there
22 was no settlement or judgment.

23 Q You're pretty sure then that you're
24 familiar with the general guidelines, I'll just
25 go through them briefly.

Bonestead - direct

1 You've been sworn, so you're aware that
2 your testimony here has the same effect as if you
3 were testifying in court before a judge or a
4 judge and a jury; correct?

5 A Correct.

6 Q All right. The court reporter is going
7 to try to take down everything we're saying, so
8 please be sure to make your answers in words as
9 opposed to gestures or non-word sounds like
10 "um-hum" or "uh-uh," and to keep your voice up,
11 even though I may have a little trouble doing
12 that this week. Also, please be sure to wait
13 until I'm finished asking the question before you
14 answer so that the record is kept clear. If you
15 refer to a document in order to answer a
16 question, please let us know what it is you're
17 referring to.

18 If you don't understand a question,

19 please let me know. If you answer a question
20 without asking for clarification, we'll be
21 entitled to assume that you understood the
22 question as asked. Okay?

23 A Yes, all good.

24 Q If Mr. Laverghetta objects to a
25 question, he'll probably tell you in the same

Bonestead - direct

1 sentence that you may answer. And if so, just go
2 right ahead and answer. If you're not told you
3 may answer, please wait until we put whatever
4 discussion on the record that we need to. Okay?

5 A Okay.

6 Q Clearly we don't want you to guess at
7 anything. If you don't know the answer to a
8 question, please tell me that. If you can give a
9 reasonable approximation or estimate, you're free
10 to do that. But let us know that that's what
11 you're doing.

12 A Okay.

13 Q Okay, very good. You're not trapped in
14 here. If you need to take a break for whatever
15 reason, just let us know. We just won't be able
16 to break in the middle of a question, so we would
17 want at least to have the question answered
18 before taking a break. Okay?

19 A Okay.

20 Q And if you can't hear me since my voice
21 is a little scratchy, just let me know.

22 A Okay.

23 Q You've been kind enough to provide us
24 with a copy of your curriculum vitae. Has that
25 been updated?

Bonestead - direct

1 A Not from the listed date, what is
2 September '05.

3 Q Is there anything that should be added?

4 A Well, I have, you know, attended more
5 conferences and meetings, they'll go in on the
6 next update. I am a fellow in the American
7 Academy of Disability Evaluating Physicians as
8 opposed to a member only, I'm not sure that made
9 it into this.

10 Q And what's the difference?

11 A Well, to be a member, you know, you
12 send in an application and you may or may not be
13 accepted. To be a fellow there is a set of
14 requirements, peer-reviewed requirements. You
15 have to take training courses in disability
16 evaluation. Your disability reports are peer
17 reviewed, and you have to show an interest in the
18 field of disability evaluation to become a

19 fellow. An interest meaning actually doing the
20 work.

21 Q Okay. Any other updates or corrections
22 to your CV?

23 A I think it's correct. I don't think
24 there's any major changes.

25 Q You're still currently licensed to

Bonestead - direct

1 practice medicine and surgery in New Jersey?

2 A I am.

3 Q And you're still currently practicing
4 orthopedic surgery?

5 A I am.

6 Q What's the nature of your practice?

7 A I practice general orthopedics. It's
8 easier for me to tell you what I don't do than
9 what I do. I no longer do primary bone tumors,
10 which I send to a colleague now. I don't do
11 infant-style pediatric orthopedics, which I've
12 done in the past. I don't do, for instance, limb
13 replantations or surgery that requires
14 microvascular repairs. In every single part of
15 orthopedics, and there's many, there's probably
16 something that I would refer to somebody who does
17 that pretty much all the time.

18 But on the other hand, almost all the

19 patients that come to my office I treat on my
20 own.

21 Q I see you have a few publications here.

22 A Yes.

23 Q Any publications since this was
24 printed?

25 A No.

Bonestead - direct

1 Q Would I be correct in saying from
2 looking at the titles of these articles, that
3 none of them pertains to the procedure that's at
4 issue in this case?

5 A That's correct.

6 Q Have you ever published anything
7 relevant to the procedure at issue in this case?

8 A No.

9 Q Have you ever given any presentations
10 on that subject?

11 A I would say no.

12 MR. BERGE: We'll go ahead and mark the
13 CV as Bonestead-1.

14 (Exhibit Bonestead-1, Curriculum vitae,
15 was marked for identification.)

16 Q There's a notation here, American Board
17 of Orthopedic Surgery.

18 A Yes.

19 Q Did you take an exam for that?

20 A Yes, that's a two-day examination.

21 Q Did you pass that the first time?

22 A I did.

23 Q Is that a board for which

24 recertification is required?

25 A When I came through, there was no

Bonestead - direct

1 recertification. There is now, but I'm not
2 subject to that.

3 Q So you haven't had to, you haven't been
4 required to retake it?

5 A That's correct.

6 Q Is that something that one can do
7 voluntarily?

8 A You can.

9 Q Have you chosen not to?

10 A That's correct.

11 Q Any other board certifications?

12 A No.

13 Q Have you ever practiced in the area of
14 medicine or surgery apart from orthopedics?

15 A No.

16 Q What percentage of your time is devoted
17 to teaching activities?

18 A Well, I never thought of it time-wise.

19 I attend the teaching conference at the
20 orthopedic residency program at St. Joseph's.
21 That's Tuesday mornings. I usually try to go at
22 least twice a month.

23 I have fellows in sports medicine who
24 come to my office for four to eight weeks at a
25 time, one to two fellows a year, that's been

Bonestead - direct

1 going on for years now.

2 In the operating room I'm often working
3 with orthopedic residents, and I give them
4 instructions in how to operate. So all in all, I
5 don't know the time, but it's part of my
6 practice.

7 Q The fellows who rotate or who come to
8 your office, what institution are they affiliated
9 with?

10 A They're from the sports medicine
11 fellowship from the St. Barnabas System, Union
12 Hospital, St. Barnabas.

13 Q What hospitals are you, or at which
14 hospitals are you a credentialed orthopedist?

15 A Right now St. Barnabas, Livingston,
16 Union Hospital in Union. Rahway Hospital in
17 Rahway. And I also work at The Center for
18 Ambulatory Surgery, which is in Mountainside, New

19 Jersey.

20 Q From your earlier answer regarding
21 depositions, you said you've given testimony in
22 at least 100 depositions.

23 A Right.

24 Q Over what period of time would that
25 have been?

Bonestead - direct

1 A Well, I guess my first deposition was
2 sometime in the '80s, I don't recall for what
3 issue. So I've been in practice for 25 years.

4 Q For what period of time have you been a
5 consultant for medical/legal cases?

6 A That began towards the, sometime in the
7 '80s. When I first came into practice I didn't
8 do any of this, but later on in the '80s I
9 started, and I've continued through the years.

10 Q How many, say in the last year, how
11 many patients per week would you say you have
12 seen for medical/legal purposes?

13 A For medical malpractice I rarely see
14 any, it's rarely they come to my office, a few a
15 year. But are you including what's called
16 independent medical examinations?

17 Q Yes, examinations at the request of an
18 attorney.

19 A Or if not an attorney, an insurance
20 firm or something like that?

21 Q A carrier, yes.

22 A Most weeks I see two. Some weeks I'd
23 see five. Because I have a, every second week I
24 have an afternoon where I try to do three what's
25 called IMEs, so that's the week that I would do

Bonestead - direct

1 five. But most weeks I do two.

2 Q Of the two to five, how many of those
3 are at the request of an attorney specifically?

4 A Well, generally they all are. They're
5 all attorney requested, although if the attorney
6 is on the defense side, there's usually an
7 insurance company as well. Most of these IMEs, I
8 would say the majority of the IMEs are what we'll
9 call defense, although not exclusively, and I do
10 what we'll call plaintiff's examinations. I have
11 no -- it doesn't matter to me. The role of a
12 disability evaluating physician, it shouldn't
13 matter what side, and it doesn't to me. You have
14 the same job to do, what happened.

15 Q Do you do disability examinations for
16 petitioners at all for injured workers?

17 A Sure.

18 Q As opposed to for the insurance

19 carrier?

20 A Absolutely.

21 Q What's the percentage of your worker's
22 compensation practice for petitioners versus for
23 respondents?

24 A Actually, I do a very small number of
25 summary examinations in worker's comp. Most of

Bonestead - direct

1 my practice there is actually treatment of
2 injured workers, or second opinions of treatment
3 for injured workers. So the few that I do tend
4 to be more on behalf of the respondent.

5 Q How many patients a week do you see
6 unrelated to any legal issues?

7 A Sure. I have office hours three days a
8 week, three half-days a week. And we try to see
9 about 15 people per session. So that's roughly
10 45 people. And it can be more or it can be a
11 little less, but that's a rough number.

12 Q Now, you said that medical malpractice
13 cases are very rare. How about personal injury
14 cases?

15 A Well, in terms of office exams.

16 Q Yes.

17 A Okay. See, I have to be clear what
18 you're asking. Many orthopedic patients, I'm not

19 the medical expert, I'm just treating them, but
20 they happen to have personal injury.

21 Q Right.

22 A So that's a lot of everybody's
23 practice.

24 Q Right, of course. Let me clarify. I'm
25 really referring to patients who you're seeing to

Bonestead - direct

1 evaluate the extent of their injury or disability
2 for legal purposes.

3 A That would be the two or five
4 combination.

5 Q And remind me, what was, what
6 determined whether it was two or five?

7 A The week of the month. Twice a month I
8 see, I have an afternoon where I try to see three
9 patients purely for disability evaluation, or
10 impairment evaluation.

11 Q Aside from seeing patients and
12 performing examinations, do you review cases for
13 merit regarding medical malpractice?

14 A I do see cases that I don't get into.
15 There's a number of cases sent to me on behalf of
16 patients where I'll take a first look at the
17 case, and then speak to the attorney and say one
18 of two things: Either I believe there's a

19 negligent issue, or I don't.

20 And let me add another thing that I
21 might say. It's just not the right kind of case
22 for me, I don't do this kind of work, I don't
23 consider myself the right witness for you. So I
24 see cases along those lines, too, yes.

25 Q First of all, how many of those would

Bonestead - direct

1 you say a week, a month or a year?

2 A Well, I remember one year, the most I
3 ever did was one year I saw like a lot, like 40
4 or so. I didn't take them all. But now they
5 seem to be a couple a month.

6 Q And of those, what percentage are for
7 plaintiff's attorneys and what percentage are for
8 defendant's attorneys?

9 A Right now as a first look, I see more
10 plaintiff's work. And that's because it's just
11 the nature of medical malpractice that there's
12 more plaintiff's work for first look. But in
13 terms of things that I actually do, it's about
14 50/50. I do as much medical review and testimony
15 for defense as I do for plaintiffs. And
16 depositions and trials, it's about 50/50.

17 Q Just to be clear. You said that of the
18 first-look reviews there are more plaintiffs than

19 defense?

20 A Oh, yes.

21 Q And what proportion would you say?

22 A I think in terms of first look, you
23 know, I don't want to guess, but it's
24 substantially more, because many of them have no
25 merit, in my opinion, or I'm not the right person

Bonestead - direct

1 for them.

2 Q Okay. What do you charge for reviewing
3 cases?

4 A \$400 an hour.

5 Q What do you charge for giving
6 testimony?

7 A Deposition is \$450 an hour with a
8 two-hour minimum.

9 Q And court?

10 A Court I think is half-day is \$5,000,
11 and a full day is \$8,000.

12 Q Do you belong to any organizations or
13 groups that have the purpose of providing
14 medical/legal consultations?

15 A I'm not sure that I would use the word
16 belong to. But I do get cases from a firm in
17 Manhattan called Medquest, Elliot Stone's firm.
18 But I'm not a member in the sense of an owner

19 or -- from time to time they will call me and ask
20 me would I like to review a case.

21 Q Is the referrals from Medquest, are
22 they doing either plaintiff cases or defense
23 cases predominantly?

24 A Oh, it's almost all plaintiff's cases.

25 Q Have you ever been denied staff

Bonestead - direct

1 privileges at a hospital or accreditation?

2 A No.

3 Q Have you ever had your privileges or
4 accreditation from a hospital withdrawn?

5 A No.

6 Q Have you ever been the subject of
7 disciplinary procedures by a board of medical
8 examiners?

9 A No.

10 Q All right. You've provided me with a
11 copy of some papers relating to the plaintiff's
12 visit to your office for your examination on
13 November 20.

14 A That's right.

15 Q Do you have any other papers related to
16 that visit?

17 A Not really. I mean, there's, some of
18 my office staff regarding setting up the

19 appointment. And that's it.

20 Q Just to show you, is that this here?

21 A Yes, that's it.

22 Q Did you have any other, any notes or
23 any other paperwork related to that visit?

24 A No.

25 MR. BERGE: I think we'll go ahead and

Bonestead - direct

1 mark this.

2 (Exhibit Bonestead-2, Doctor's notes, was
3 marked for identification.)

4 Q So these papers that I've marked as
5 Bonestead-2, is it correct to say that they reflect
6 all of your notes, papers or documents associated
7 with that visit, with your examination of Jane
8 Doe?

9 A Yes.

10 Q Aside, of course, from your report?

11 A Yes.

12 Q Where did you examine Miss Doe?

13 A It was at my office in, I believe it
14 was in the Fairfield location.

15 Q How many offices do you have?

16 A Well, I'm not going to really call that
17 my office. I have one principal office. And in
18 Fairfield I lease or rent space two afternoons,

19 two half, well, no, two half a days or two
20 afternoons a month, and that's in Fairfield. And
21 that's exclusively to do my medical/legal work.
22 I don't do any patient visits there.

23 Q From whom do you rent that space?

24 A There's two chiropractic physicians
25 that own the office. I'm sorry, one chiropractic

Bonestead - direct

1 physician and one internist. And I rent from
2 them.

3 Q Okay. How many times did you examine
4 Miss Doe?

5 A One time.

6 Q Was she cooperative?

7 A Oh, yes.

8 Q In your report you have some mention of
9 her history. Who took her history?

10 A I did. I do the whole thing.

11 Q There's a sheet in, the second sheet in
12 your packet of notes has her historical
13 information, medications, allergies, surgeries,
14 et cetera. Was that written by Miss Doe or by
15 you?

16 A By her.

17 Q How much time were you, did you spend
18 evaluating Miss Doe including taking the

19 history and performing your physical exam?

20 A I don't know. I reserve an hour in the
21 office, but -- I reserve an hour, but I'm not
22 exactly sure how much I spent exactly with her.
23 Of course I knew a lot about her before she came
24 in, since I had already reviewed all the records.
25 So I tried to use that examination to update

Bonestead - direct

1 where she stood at that point in time, and to do
2 a physical examination. And in her case this was
3 not a multi-system multiple area examination, it
4 was limited to the wrist and hand region.

5 To answer the question, I don't know
6 exactly how much time. Enough time to do all the
7 testing I wanted to do.

8 Q What specific examinations did you
9 perform?

10 A Well, if you take a look at my report,
11 it's divided into sections beginning on Page 2.
12 So I do a general assessment of the patient. I
13 look at the skin, the vascular status, the
14 neurological status, and then the musculoskeletal
15 status.

16 Q Did you perform any specialized
17 maneuvers or tests as part of your examination?

18 A Yes, I did.

19 Q Can you describe those, please?

20 A Well, I took a tape measure and
21 actually measured her incision. In the
22 neurological examination I carefully tested her
23 ability to feel in the area of the nerve in
24 question. In the musculoskeletal examination I
25 used a device called a Jamar, J-A-M-A-R, to

Bonestead - direct

1 actually measure her grip strength. So those are
2 specialized exams.

3 Q Can you tell me about the Jamar, how
4 does it work?

5 A A Jamar is a commercially-available
6 device that has a grip and a gauge. And you give
7 the patient a short training period, and then you
8 ask them to squeeze. And the gauge measures the
9 amount of force or strengths of their grip. And
10 then we can compare that to known standards and
11 have an idea of the patient's grip strength.

12 Q Now, I believe there was a mention on
13 Page 3 of your report of three averaged trials of
14 the Jamar?

15 A That's right.

16 Q What does that mean?

17 A Well, after they practice for a little
18 bit and they know what to do, you ask them to

19 squeeze for grip strength three times, you come
20 up with three numbers, and then you average them
21 to get the number that's recorded here.

22 Q And I believe you referred to that as
23 an objective test?

24 A That is objective, yes.

25 Q All right. How so?

Bonestead - direct

1 A Well, you're coming up with a physical
2 measurement as opposed to a report from the
3 patient. So it becomes objective.

4 Q Is that a reliable test?

5 A Yes, it's considered reliable, yes.

6 Q Did you have any reason to doubt the
7 findings that resulted from your applying that
8 particular test, the Jamar?

9 A No. I believe she made a true effort
10 and recorded the results. And I thought the test
11 was satisfactory.

12 Q In your report you don't, I believe you
13 don't mention what her dominant hand is. Are you
14 aware of which hand is her dominant hand?

15 A You know, if I don't mention it, I
16 should have, I'm sure it's someplace in my
17 records. I don't see that right now.

18 Q I believe you mentioned in the earlier

19 report, which we'll refer to later, that you
20 reviewed the records of Accelerated Hand and
21 Rehabilitation.

22 A I did.

23 Q So let me get you a copy so we can all
24 follow along.

25 A This is Dr. Miller's.

Bonestead - direct

1 Q Yes, sorry. Here we go. And that
2 report, on the first page, I believe after, "Dear
3 Dr. Miller," the second sentence indicates that
4 she's right hand dominant; does it not?

5 A Yes, it does.

6 Q Do you have any reason to disagree with
7 that?

8 A No.

9 Q In the results of your examination with
10 the Jamar -- let me go ahead and mark this
11 report, the November 20th report, since that's
12 the history and physical examination.

13 (Exhibit Bonestead-3, Report 11/20/06,
14 was marked for identification.)

15 Q All right. So on Page 3 that we were
16 discussing you have a note that the left side,
17 the grip of the left side was 34 kilograms, and
18 the right was 32 kilograms; correct?

19 A That's correct.

20 Q You also note on Page 4 that as you
21 just mentioned -- withdraw that whole question
22 and I'll start over.

23 You mentioned a couple minutes ago in
24 your testimony that once you have the findings
25 from your examination you compare those to, I

Bonestead - direct

1 think you said standards or normals, or what is
2 it you normally refer those two, compare those
3 two?

4 A There's tables of ranges of grip
5 strength, dominant hand, non-dominant hand, and
6 by age group. I don't have that table with me
7 today, but that's what I use.

8 Q Okay. And so comparing her findings to
9 the normals for a female in her age group, you
10 note that the normal grip for the dominant hand
11 is 30.8, and for the non-dominant hand is 28.

12 A Correct.

13 Q Now, that reflects a 2.8-kilogram
14 difference typically between the dominant and the
15 non-dominant; correct?

16 A It does, yes.

17 Q And it reflects that the, or it shows
18 that the dominant hand is typically stronger than

19 the non-dominant hand?

20 A That's correct.

21 Q Those were not the findings in her
22 case; correct?

23 A No. Her findings were as I recorded,
24 or as the, you have another set of findings from
25 another observer.

Bonestead - direct

1 Q I'll get to those.

2 A Yeah, okay.

3 Q So, in fact, in her case she, her
4 dominant hand was essentially 2 kilograms weaker
5 than the non-dominant hand; correct?

6 A That's right.

7 Q So, in fact, if you were to compare
8 that side by side with the expected normals, she
9 would have what, about a 4.8 kilograms or so less
10 than expected?

11 A Right, in that analysis. But that's
12 not how we do it. But what you did is correct.
13 But it's not the issue with grip strength,
14 though.

15 Q What do you mean by that?

16 A Well, the most important thing with
17 grip strength is the individual patient. So she
18 happens to have a stronger grip strength than the

19 norm. And what you look for is relatively close
20 measurements between the hands. It's not always
21 true that the dominant hand must be stronger than
22 the non-dominant hand, but in normograms it's
23 usually listed, it is listed as stronger.

24 So looking at her the only way you're
25 supposed to, which is as an individual patient,

Bonestead - direct

1 she has a very good match between her two hands,
2 they're separated by less than 2 kilograms. And
3 she's actually stronger than the norms. So you
4 would come to the conclusion that objectively her
5 grip strengths are symmetrical and normal for
6 that one measurement.

7 Q But, again, comparing them to the
8 normals as you did, she's actually reversed in
9 terms of which is stronger at the time of your
10 examination?

11 A That's right. She's reversed, but
12 stronger.

13 Q Stronger than the average person?

14 A Than the norms, right.

15 Q Now, I will refer now to the
16 Accelerated Hand Therapy and Rehabilitation. I
17 believe, was that what you were about to refer to
18 there, the measurements that they took?

19 A That's right. Here the grip strength,
20 the right side was stronger than the left, and
21 this is measured in pounds instead of kilograms,
22 so you would have to make that conversion. So,
23 once again, what's important that she has her
24 grip strengths are relatively symmetrical, and
25 interestingly, this was done on March 26, '03,

Bonestead - direct

1 and her nerve repair surgery was early
2 February '03. So she was in the early recovery
3 stage, and yet she had a grip strength that was
4 symmetrical. So this piece of information kind
5 of finishes my thought that grip strength is not
6 an issue with this girl. She has good grip
7 strength right after surgery, and she had good
8 grip strength when I see her several years later,
9 so there's no objective evidence of trouble with
10 grip strength.

11 Q Well, during that very early
12 examination shortly after the nerve repair,
13 indeed, it looks like, let's see, it looks like
14 we're talking about, the repair was on
15 February 5, 2003, and this was March 26th.

16 A Maybe six weeks.

17 Q About six weeks?

18 A Right.

19 Q She did have the normally expected
20 ratio or proportion, if you will, with the
21 dominant hand stronger than the non-dominant
22 hand; correct?

23 A That's right.

24 Q And over the time between that
25 examination and your examination, her dominant

Bonesteal - direct

1 hand is now not as strong as her non-dominant
2 hand; correct?

3 A That's right, at the time of my one
4 examination, yes.

5 Q Aside from the grip strength not
6 matching the normal tables to which you referred,
7 were there any other findings that were abnormal?

8 A Abnormal. Well, you're calling that
9 abnormal, I'm not.

10 Q Well, okay. Aside from the fact that
11 the measurements you took were not consistent
12 with the proportion that you referred to in the
13 normal tables, were there any abnormal findings?

14 A Well, yes. She had scarification from
15 her surgery. She also had diminished perception
16 of light touch in the superficial nerve
17 distribution on the right side in comparison to
18 the left.

19 She also had what we would call a
20 Tinel's sign tapping the incisional area. There
21 was also some post-surgical, firm post-surgical
22 type swelling in the region of her operative
23 incision. So those are abnormal findings of
24 someone who's had surgery, yes.

25 Q You also, as I understand, reviewed the

Bonestead - direct

1 notes and records of Dr. Jeffrey Miller, the
2 surgeon who performed the nerve repair; correct?

3 A I did, yes.

4 MR. BERGE: I'm just going to mark my
5 packet here with the records of Dr. Miller.

6 (Exhibit Bonestead-4, Dr. Miller's
7 record, was marked for identification.)

8 MR. BERGE: And, actually, what I'm
9 going to do is mark as 4A the operative report of
10 Dr. Miller from 2/5/03.

11 (Exhibit Bonestead-4A, Operative report
12 2/5/03, was marked for identification.)

13 Q Now, on the first page of the operative
14 report, the last paragraph on the first page, I'm
15 going to read some of this into the record and
16 just follow along with me and let me know if my
17 reading is accurate. "The prior incision was
18 opened. Readily apparent was the distal end of

19 the entire superficial radial nerve. Proximally,
20 the proximal stump was somewhat irregular. It
21 was necessary to extend the incision for an
22 additional 1 inch proximally to allow for good
23 exposure for the repair. Both ends of the nerve
24 were mobilized. The first compartment tendon
25 sheath was checked for a complete release. The

Bonestead - direct

1 release was indeed full. The EPB tendon was free
2 and solitary. A microscope was brought in, and
3 under very high-powered magnification," and it
4 goes on.

5 A Yes, sir.

6 Q Would you agree with the conclusion
7 that the statement that the distal end of the
8 superficial radial nerve was readily apparent
9 referred to a time before the microscope was
10 being used?

11 A It appears that way, yes.

12 Q In your experience, does that make
13 sense from a surgical perspective?

14 A Well, I think in this case it would.
15 Because at that point the nerve had already been
16 tagged by Dr. Loriano. So it would be apparent,
17 yes.

18 Q When you say tagged, what do you mean?

19 A In the index surgery by Dr. Lorianio,
20 after recognizing the transection of the nerve,
21 he repaired it with a single suture. So,
22 therefore, it would be very apparent when you
23 opened, you would see that immediately. And
24 also, you're looking for it, because you're being
25 told there's an issue, so your awareness is there

Bonestead - direct

1 that that's what you're going for.

2 Q And would it also be accurate to
3 conclude from this documentation when Dr. Miller
4 says both ends of the nerve were mobilized, that
5 that procedure was also done without the
6 assistance of a microscope?

7 A It looks like he did it that way if you
8 follow his -- he mobilizes the nerve ends and
9 then brings in the scope for the repair.

10 Q Is microscopic surgery part of your
11 practice?

12 A No. I use loups, we call them, eye
13 magnification. But not a high-power microscope.

14 Q Now, within the same packet we have a
15 letter from Dr. Miller to Dr. Lorianio describing
16 the consultation that's dated January 31. We'll
17 go ahead and mark that 4B.

18 (Exhibit Bonestead-4B, Consult letter,

19 was marked for identification.)

20 Q In the third paragraph, it begins with,
21 On examination today, Dr. Miller refers to an
22 exquisitely positive Tinel's sign?

23 A Yes.

24 Q Would you say that that is consistent
25 with your finding, at least in terms of the

Bonestead - direct

1 presence of a Tinel's sign?

2 A Yes.

3 Q How would you characterize the Tinel's
4 sign that you elicited in regard to what you can
5 observe from Dr. Miller's description?

6 A Compared to mine?

7 Q Yes. Or compared to your recollection.
8 Or I didn't ask you, do you have an independent
9 recollection of the examination?

10 A Yes, I do remember the exam. Not as if
11 it happened yesterday, but I remember the
12 patient, yes.

13 Q All right.

14 A Let's see. It was in the same area. I
15 found that when I tapped the incisional area, it
16 caused the shocks traveling towards the wrist but
17 not the fingers. So there was a Tinel's that
18 went from the operative incision to the wrist.

19 And Dr. Miller only says, he says that his sign
20 is present proximal and volar to the incision, so
21 that's the incisional area also, but he doesn't
22 say how far down the hand that she feels the
23 shocks. So it's a little different discussion of
24 the sign.

25 Q So when you state in your report that,

Bonestead - direct

1 "Patient states that tapping the incisional area
2 causes shocks traveling toward the wrist but not
3 to the fingers," that's in a general sense
4 consistent with her examination back in January
5 of 2003; correct?

6 A In the general sense, yes, there was a
7 Tinel's sign, yes.

8 Q And would you expect that any such
9 tapping or bumping into objects would produce
10 those same symptoms?

11 A If you bumped or struck the area of the
12 nerve you would, since she was showing that in
13 '06, several years later, I would think it would
14 still be there, yes.

15 Q And is that consistent with the
16 surgical history?

17 A Yes.

18 Q You mention decreased sensation to

19 light touch. How do you perform your examination
20 for light touch?

21 A Well, I have devices for two-point
22 discrimination, they're manufactured devices that
23 measure two-point discrimination. Well, but
24 actually, you asked me about light touch.

25 Q Yes.

Bonestead - direct

1 A I usually brush with a soft object such
2 as a Kleenex tissue. And it's a subjective
3 response. A light, there are commercially
4 available brushes and so forth, but a soft tissue
5 serves the same discrimination.

6 Q Are the patient's eyes opened or closed
7 when you're performing that?

8 A They should not be directly looking
9 where you're brushing. On the other hand,
10 though, it doesn't negate the test. These kind
11 of subjective tests depend on the patient being
12 fair and true about what they feel whether
13 they're looking at it or not.

14 Q Was Miss Doe looking when you
15 performed the light touch examination?

16 A I don't specifically say, Don't look,
17 but I kind of position myself, and in the way I
18 do things so that they're not quite able to see

19 what I'm doing. So I would have to say she
20 didn't have her eyes closed, but she wasn't
21 focused on the area that I was examining.

22 Q And does that apply to your examination
23 for two-point discrimination as well?

24 A Yes, same idea. But, again, it doesn't
25 depend on the patient not looking. It's more

Bonestead - direct

1 dependent on the patient being forthright about
2 what they feel.

3 Q You report, when you say, "Patient
4 reports decreased sensation to light touch in
5 two-point discrimination testing in the
6 description of the superficial radial nerve on
7 the right side," does that indicate that her
8 responses were consistent with the anatomy of the
9 nerve involved?

10 A Yes.

11 Q What's the minimum distance in that
12 two-point discrimination?

13 A I didn't record it because I was only
14 interested in the comparison. What I was trying
15 to find out, I had a purpose to this part of the
16 exam. I wanted to see if she had any sensation
17 in that distribution, and did it offer her
18 protection, which I came to in my conclusion. I

19 wasn't really interested in the number. What I
20 wanted to know was does she have protective
21 sensation, does she have some element of
22 sensation in that distribution as opposed to a
23 number. And I answered that question, which is
24 part of my report.

25 Q The instrument that you used, the

Bonestead - direct

1 commercially available instrument for measuring
2 two-point discrimination, what's the nature of
3 the part or parts of the instrument that come in
4 contact with the patient's body?

5 A Well, it's a wheel. And from the wheel
6 are prongs, they're blunt prongs. And they're
7 spaced at different distances, 2 millimeters,
8 3 millimeters, 4 millimeters, 5 millimeters, all
9 the way up to 10 millimeters. So that you're
10 able to touch the patient with two prongs at a
11 time and you say are you feeling one touch or
12 two, that's two-point discrimination. So it's a
13 better way of doing it because you get a number
14 readout.

15 But, again, in her particular case, I
16 know she had decreased sensation. But my whole
17 point was to discover if she had protective
18 sensation, did she have any sensation.

19 Q So it's not the type of exam for
20 two-point discrimination that you might use a
21 sharp object such as an EKG caliper or something
22 along those lines?

23 A Well, you really shouldn't 2 PD with
24 sharp objects because then you're getting a pain
25 response. Two PD is really a touch or pressure

Bonestead - direct

1 response, it's not a pain response.

2 Q Did you measure pain response in any
3 way?

4 A She had no pain response. It's
5 implicit in the exam. When I touched her arm she
6 did not have any pain response to that, except
7 for the tapping at the wrist, which gave her the
8 shocks.

9 Q Well, really what I'm asking is did
10 you, for instance, did you examine her to see if
11 she could distinguish between a sharp touch and a
12 dull touch?

13 A No, I didn't.

14 Q Or if you could elicit pain with a
15 sharp object?

16 A No, I specifically didn't do that part
17 of it. Again, I was focused on the question of
18 protective sensation, which is really the issue

19 in this particular part of your hand. It's
20 because it's the top of the hand and not the
21 fingertips. So, the top of her hand is not an
22 area where we make discriminatory touch, it's, we
23 do that with our fingertips. Here the top of the
24 hand is temperature sensitive, and she had no
25 evidence of any trophic changes or loss of

Bonestead - direct

1 protective sensation, and that's what I was
2 interested in.

3 Q What's protective sensation?

4 A It means that you have enough feeling
5 so that you don't harm yourself unintentionally.
6 So if you inadvertently have your hand against a
7 hot object, and you have no sensation, you'll
8 burn yourself and not know it. Protective
9 sensation allows you to withdraw the hand.

10 Q A person can have sensation that's
11 intact to pressure but not, say, to temperature;
12 correct?

13 A That would involve a more complex
14 neurological problem that usually from a spinal
15 cord type injury. But the nerve in question here
16 is a sensory nerve, you know, and it's concerned
17 predominantly with touch.

18 Q Aren't pressure receptors deeper than

19 pain receptors?

20 A I don't know what you mean by deeper.

21 Q Farther down under the skin.

22 A I don't know the answer to that.

23 Q And you said you didn't measure to see
24 whether or not she could perceive heat?

25 A Not particularly, no.

Bonestead - direct

1 Q So, in fact, you weren't able to
2 evaluate, or at least you didn't evaluate whether
3 or not she had protective sensation in terms of a
4 sharp object touching her or in terms of a hot
5 object touching her; correct?

6 A Well, no, that's not exactly true. If
7 you have the sensation of light touch and you
8 have feeling, it means that you can feel hot and
9 cold as well. Because we're not dealing with a
10 brain or a spinal cord problem here. If someone
11 in the hand has sensory function, they can feel
12 hot, cold, light and dull. Although I'm agreeing
13 that her function is diminished.

14 Q Do you have any reference for your
15 assertion that essentially that if her light
16 touch is intact that the other sensations would
17 be intact?

18 A Well, I could probably get that. I

19 don't have it on the top of my head here. But
20 we're talking about one specific nerve now,
21 again, and we're not talking about a spinal cord
22 injury. We're talking about the radial sensory
23 nerve.

24 Q But, in any case, you didn't
25 demonstrate sensation to sharp or sensation to

Bonestead - direct

1 heat?

2 A It's not specifically held out there.
3 But, again, it's implicit in the fact that her
4 skin is intact, there's no evidence of burns,
5 there's no healing abrasions indicating
6 inadvertent injury. There's much more to it than
7 the physical testing alone. The fact is her hand
8 had normal form and appearance, her skin is not
9 burned, it's not scratched, it's not ulcerated.
10 She doesn't have loss of hair, she doesn't have
11 trophic changes, meaning nutritional changes, she
12 doesn't withdraw with hypersensitivity. None of
13 that is present. So what she's got is diminished
14 sensation, that's what this girl has.

15 Q And as to how diminished, you were not
16 able to quantify that; correct?

17 A Correct. I was seeking one issue, does
18 she have protective sensation, and she does. She

19 feels there.

20 Q The Jamar test involved three attempts;
21 correct?

22 A That's right.

23 Q Did you do any testing that would
24 evaluate the effect of motions of a more
25 repetitive nature?

Bonestead - direct

1 A No.

2 Q And did anything that you did allow you
3 to evaluate the effect of fatigue on her hand
4 function?

5 A No, that would require a functional
6 capacity exam.

7 Q So as a logical corollary, is it
8 accurate to say that you did not evaluate the
9 effect of, say, prolonged use of a computer
10 keyboard and what that would, how that would
11 affect her?

12 MR. LAVERGHETTA: Object to the form of
13 the question.

14 A I did not do that kind of testing.

15 Q I'm going to refer now to your other
16 report, report of June 23, 2006, which we'll go
17 ahead and mark.

18 (Exhibit Bonestead-5, Report 6/23/06, was

19 marked for identification.)

20 (A break is taken.)

21 CONTINUED DIRECT EXAMINATION BY MR. BERGE:

22 Q All right. So we have the June 23
23 report, which was the report that you wrote
24 clearly prior to examining Miss Doe; is that
25 correct?

Bonestead - direct

1 A That's correct.

2 Q And then we have the November 20, 2006
3 report, which refers to your evaluation of Miss
4 Doe; correct?

5 A That's correct.

6 Q All right. Have you written any other
7 reports other than these two pertaining to this
8 case?

9 A No.

10 Q Have you prepared any addenda or
11 anything, any other change or addition?

12 A The only other thing I did was I
13 prepared a timeline of the case, which actually I
14 did today in preparation. That's all I have.

15 Q Okay. If I would be able to get a copy
16 of that?

17 A Sure.

18 Q Would I be correct in saying that your

19 timeline doesn't include any opinions relating to
20 the case?

21 A You're correct.

22 Q Do these two reports, the report of
23 June 23, 2006, which is Bonestead-5, and the report
24 of November 20, 2006, which is marked as
25 Bonestead-3, do those two contain all of your

Bonestead - direct

1 opinions regarding this case?

2 A They do.

3 Q Are there any opinions regarding this
4 case that would pertain to Miss Doe's
5 condition deviations from the standard of care or
6 their absence, proximate causation, damages or
7 permanency appear anywhere other than these two
8 reports?

9 A No.

10 Q In the June 23rd report, which is
11 Bonestead-5, you have a list of records that you
12 have reviewed, it goes 1 through 13. Is that
13 list accurate?

14 A Yes, certainly it's accurate. I
15 believe all the deps are in there.

16 Q Do you have any corrections to make to
17 that?

18 A Not to my knowledge.

19 Q Have you reviewed any reports or
20 documents or records subsequent to that?

21 A No.

22 Q Now, there are two consent forms that
23 have been previously marked. Those were consent
24 forms that Miss Doe signed prior to her
25 surgery by Dr. Lorianio.

Bonestead - direct

1 A Yes.

2 Q They were marked as D-1 and D-2.

3 A From Morristown Memorial?

4 Q Correct. D-1 is from Morristown

5 Memorial -- actually, they both are. D-1 is

6 dated 1/30/03. And D-2 is dated, I believe it

7 says 1/27/03. And written in in the

8 risks/benefit section of both of those after some

9 pre-printed information is nerve damage; correct?

10 A That's right. Well, I just see one

11 here; I'm missing something here. I have the

12 Morristown consent, which is one page, that I

13 have, yes.

14 Q And D-2 is, it's also marked as

15 Morristown, but it has a different date.

16 A Yes.

17 Q My understanding, well...

18 A Was one done at the office perhaps and

19 one at the site?

20 Q That's my impression. Because the

21 1/27, D-2 is maybe in Dr. Lorian's record.

22 A Okay, let me find it. Well, I still

23 have just one. I have the one from the hospital.

24 Q All right. Well, you're certainly

25 welcome to refer to my copy. We're not going to

Bonestead - direct

1 get into anything complex here.

2 A Okay.

3 Q All right. To my eye, the printed list
4 of complications seems to be the same in both.
5 Do you agree? Do you want to look those over?

6 A May I?

7 Q Sure.

8 A Yes, this is the same printed form, so
9 all the printing would be the same. There's some
10 differences in the handwriting.

11 Q And nerve damage is the only
12 complication written in there; correct?

13 A That's correct.

14 Q Now, of the complications that are
15 printed there, they include, it says, "These
16 risks include allergic reactions, bleeding, blood
17 clots, infections, adverse effects of drugs, and
18 even loss of bodily function or life."

19 Picking one in particular, infections.

20 Would you agree that infections are sometimes a

21 known and unavoidable consequence of certain

22 procedures?

23 A Yes.

24 Q Even though that's true, would you

25 agree that surgeons have a duty to take

Bonestead - direct

1 reasonable precautions to attempt to prevent
2 infections?

3 A Yes.

4 Q And so, for instance, if a surgeon
5 didn't adhere to aseptic technique, didn't use
6 gloves, touched unsterile areas, or other breaks
7 in aseptic procedure, then that would be
8 negligent, correct?

9 MR. LAVERGHETTA: Objection to the form
10 of the question.

11 A Well, that's too general, because I
12 would have to know the circumstance he is in
13 which those things happened. So I can't answer
14 that, it's too general. I mean, there could be a
15 circumstance where a surgeon could do something
16 without aseptic technique, perhaps to save a
17 life, and the result might be an infection.

18 Q All right. Well, perhaps in the more

19 general sense you would agree that a surgeon has
20 a duty to take reasonable steps to attempt to
21 prevent infection?

22 A I agree with that, yes.

23 Q All right. And that, therefore, some
24 infections, and certainly the rate of infections
25 may be preventable, depending on whether or not

Bonestead - direct

1 those reasonable steps are taken?

2 MR. LAVERGHETTA: Objection to the
3 form.

4 A Some infections or the rate of
5 infections, the frequency or something like that?

6 Q Yes.

7 A Well, as a general statement, that is
8 probably good. It can't be used broadly, but as
9 a general statement that's okay.

10 Q And we mentioned that only one, or I
11 mentioned that only one complication was written
12 in. If a surgeon had written in, say, laceration
13 of the radial artery, in your opinion, would that
14 relieve the surgeon from the obligation to take
15 reasonable steps to prevent that complication?

16 MR. LAVERGHETTA: Objection to the
17 form.

18 A We always try to avoid complications as

19 best we can. So, the answer would be you should
20 always take reasonable steps to avoid
21 complications.

22 Q And would you agree that a surgeon has
23 a duty to take reasonable steps to avoid, at
24 least known complications?

25 A Yes.

Bonestead - direct

1 Q Would you agree that failure to take
2 reasonable steps to avoid complications would be
3 negligent?

4 MR. LAVERGHETTA: Objection to the
5 form.

6 A Well, I could understand cases where I
7 would agree with you, yes. In optimum settings
8 where there's no particular reason why you
9 shouldn't take reasonable steps, then you should.

10 Q Well, would you agree that the way
11 we're using reasonable in this situation would
12 take into account extenuating circumstances? In
13 other words, if you had seconds to spare to save
14 someone's life, you might not stop to wash your
15 hands, that might not be reasonable under those
16 circumstances.

17 A That's correct, that's my point, you
18 have to go case by case.

19 Q And you agree that writing a
20 complication on a consent form doesn't relieve
21 the surgeon of the obligation to make a
22 reasonable attempt to avoid that complication?

23 A Generally not.

24 Q Under what circumstances would it?

25 A Well, you're always trying to avoid

Bonestead - direct

1 complications. But, you know, some are more
2 avoidable than others. There might be a
3 complication that's part and parcel of the
4 procedure. For instance, in tumor surgery, in
5 the process of removing a tumor, you might have
6 to sacrifice a nerve that's transversing the
7 tumor. So you have to give up that nerve to be
8 rid of the tumor.

9 Q And, in fact, in a situation like that,
10 there would be no reasonable step to prevent it
11 if you're going to accomplish the procedure;
12 correct?

13 A That's right.

14 Q Have you performed the procedure that
15 Dr. Loriano was performing, a release of the
16 first dorsal compartment?

17 A I have, yes.

18 Q Have you ever cut the radial nerve?

19 A To my knowledge, no.

20 Q You've reviewed Dr. Loriano's
21 operative report; correct?

22 A I did.

23 Q And his deposition testimony?

24 A I did.

25 Q Would you agree that Dr. Loriano, when

Bonestead - direct

1 he noticed that the superficial radial nerve was
2 cut, saw that just using the operating loup that
3 he was employing?

4 A Saw that what, sir?

5 Q Just with the use of the operating loup
6 that he was using.

7 A Yes, that's correct.

8 Q And would you also agree from your
9 earlier review of Dr. Miller's operative note
10 that Dr. Miller was able to not only identify the
11 radial nerve which you mentioned had been tagged,
12 but was also able to manipulate it and mobilize
13 it without the use of a microscope?

14 A That's true, yes.

15 Q Have you ever attempted to identify --
16 and we'll start with just identify the radial
17 nerve during a surgical procedure?

18 A The superficial radial nerve?

19 Q Yes.

20 A Well, attempt to identify it, or better
21 answer for me would be to attempt to know where
22 it is or where it isn't.

23 Q Okay. Have you done that?

24 A Yes.

25 Q And how do you do that?

Bonestead - direct

1 A Well, of course we have a knowledge of
2 anatomy before we start, so we generally know
3 what the course of the nerve is. And as we make
4 our dissection, once you pass the skin, that
5 nerve is rather superficial. So in order to
6 complete this operation, you have one or two ways
7 to do it. You either have to know where the
8 nerve is and retract it away from the field if
9 it's in the field, or, conversely, just simply
10 know that it's not where you are as opposed to
11 searching it out. That works, too.

12 Q All right. Let's start with the first
13 example. How would you find it and retract it?

14 A Well, after you open the skin and go
15 through the rather thin fat layer, you start to
16 come up to the level where the nerve is, and you
17 see it. If you see it, then you have to make a
18 decision, is it in your operative field or is it

19 not. If it's in your operative field, then you
20 have to mobilize it and move it out of the way.

21 If it's not in your operative field but
22 you still see it, then you can just complete the
23 operation knowing where it is and knowing that
24 you're not there. That's one way.

25 Q And you mentioned going through the, I

Bonestead - direct

1 guess you said the thin or relatively thin layer
2 of fat under the skin, is that the subcutaneous
3 fat?

4 A That's right.

5 Q Backing up a little bit, how do you get
6 through the skin?

7 A The skin is opened with a knife.

8 Q All right. Is that what the layperson
9 would refer to as a scalpel?

10 A That's right.

11 Q And in that process of finding the
12 nerve, how do you go through the fat?

13 A Well, the nerve is not in the fat, it's
14 below that. So you spread the fat apart. The
15 fat doesn't require much in the way of cutting,
16 we use a small instrument to spread it.

17 Q Well, what kind of instrument is that?

18 A Usually a hemostat.

19 Q And that's not a sharp instrument, is
20 it?

21 A It's not.

22 Q And why would you use an instrument
23 that wasn't sharp or was blunt?

24 A Well, because blunt instruments
25 separate tissues one from the other as opposed to

Bonestead - direct

1 cutting tissues. And a general principle of
2 surgery, if we can separate something rather than
3 cutting it, it's the way we prefer to work. It
4 creates less bleeding and later less scar.

5 Q Would it be correct to say that in this
6 particular example it also decreases the risk of
7 cutting the nerve or any other structure that
8 you're approaching?

9 A Sure, that's true.

10 Q Again, staying on this particular
11 example. How long does that take from, on the
12 average, from the point at which you've cut
13 through the skin typically until the point at
14 which through blunt dissection you've found the
15 radial nerve on the average?

16 A Well, if it's in the field, just a few
17 minutes. Cutting through the skin you also have,
18 you may have some small bleeding points that

19 you'll stop for, coagulate. And then if the
20 nerve is going to be there, you'll start to,
21 you'll see it.

22 Q So three, four, five minutes?

23 A That's right. For a small incision
24 like this.

25 Q It might take a little longer, I

Bonestead - direct

1 assume, if the nerve isn't in the field, because
2 you're trying to assure yourself that it's really
3 not there.

4 A Well, that's the second way to do it.
5 You've opened and the nerve is not there, it
6 doesn't mean that you abandon the surgery, nor
7 does it mean that you must search until you find
8 the nerve. You have, you can take the other road
9 then, which is proceeding with the operation
10 knowing that the nerve is not where you are.
11 That's a satisfactory way to do it.

12 Q And is the technique for determining
13 that the nerve is not in your operative field the
14 same technique as what you described for
15 isolating it?

16 A Well, once you've cleared the operative
17 field of the subcutaneous tissues, you know, if
18 the nerve is not there, it's not there. And the

19 next step is to open the vasial (phonetic)
20 tissues and the retinacular, and you're done.

21 Q Oh, that's also, clearing the fat is
22 also done using a blunt instrument such as a
23 hemostat?

24 A Yes.

25 Q And either way you're talking about a

Bonestead - direct

1 procedure that only takes a few minutes?

2 A That's right. The complete release, if
3 there's no side issues like a ganglion or
4 something unusual, the actual release takes a few
5 minutes.

6 Q But I mean even, what I'm specifically
7 talking about is the process of either finding
8 the nerve or determining that it's not in your
9 operative field.

10 A That's right, that's not a long,
11 time-consuming issue.

12 Q Okay. Is that a technically
13 challenging issue for, or technically challenging
14 procedure for a board-certified orthopedist?

15 MR. LAVERGHETTA: Objection to the
16 form.

17 A Well, no, because we do it all the
18 time. But it doesn't mean that it's always

19 successful. But it's done essentially in every
20 operation, that you proceed when you either know
21 where structures are, or you know they're not
22 where you want to be. We do that all the time.

23 Q Okay. Now, staying with the first
24 possibility, which is that the nerve is in the
25 surgical field. If you've proceeded as you've

Bonestead - direct

1 just described, i.e., cutting through the skin
2 using a blunt instrument to separate the
3 subcutaneous fat, and then found the nerve
4 somewhere in your surgical field, I think you
5 mentioned after that that you would retract it?

6 A Well, there's a little minor step in
7 there. Assuming that it's actually where you
8 need to work, then you mobilize the nerve, which
9 means free it up, and retract it out of the way.

10 Q And what does retracting do for
11 someone, what does it mean to retract it?

12 A It means that you take the structure,
13 and we use a blunt instrument to hook it and
14 essentially pull it out of the field.

15 Q Once you've, assuming that you've found
16 the nerve, mobilized it and retracted it, you're
17 not going to cut that accidentally, could you?

18 A Well, you could. You could have a slip

19 of the knife and cut it. It could be torn by the
20 retractor. So it still could be cut.

21 Q Is it likely at that point in competent
22 hands?

23 MR. LAVERGHETTA: Objection to the
24 form.

25 A Well, it's not likely, because if it

Bonestead - direct

1 was likely, we would have a lot of this kind of
2 thing. But that doesn't mean it can't happen.

3 Q Well, would you agree that having
4 identified the nerve, mobilized it and retracted
5 it is the most effective way to avoid cutting it,
6 assuming that it's in the field?

7 A Yes, with the assumption that it's in
8 the field, yes. There's no need to do that if
9 it's not in the field.

10 Q Granted. Because if you've explored
11 the area and it's not there, it's not in your way
12 essentially; correct?

13 A Correct.

14 Q Okay. Aside from what you just
15 described, which was the possibility of, say,
16 tearing or stretching the nerve with the
17 retractor or despite -- well, really, aside from
18 that, are there any risks to the procedure of

19 identifying the nerve, mobilizing it and
20 retracting it?

21 A Well, yes. Nerves are sensitive, and
22 simply mobilizing it and moving it can actually
23 damage the nerve. In the process of retracting
24 it, you can put a stretch injury on the nerve.
25 And rarely but not impossible the nerve can be

Bonestead - direct

1 torn. And then, of course, you know, rarely but
2 not impossible, the nerve could be severed.

3 Q But you mentioned that that's done all
4 the time. Would it be accurate to say that the
5 risks of identifying, mobilizing and retracting
6 the nerve if it's in your field, that the
7 benefits of doing that are significantly greater
8 than the risks to the nerve?

9 A Sure.

10 MR. LAVERGHETTA: Objection to the
11 form.

12 Q Given that cutting the superficial
13 branch of the radial nerve is a known risk in the
14 first compartment -- is it first compartment
15 release that we're talking about?

16 A Yes, it is.

17 Q Procedure, and given that the benefits
18 are, exceed the risks, isn't that a reasonable

19 step to take in order to prevent laceration of
20 the superficial branch of the radial nerve?

21 MR. LAVERGHETTA: Objection to the
22 form. You can answer.

23 A In the case of the nerve being in your
24 field, it's a reasonable thing to do.

25 Q In the case where you don't know

Bonestead - direct

1 whether it's in the field or not, is it a
2 reasonable step to search for the nerve and
3 determine whether or not it's in the field?

4 A Well, the way you asked the question,
5 if you don't know if it's in the field or not,
6 then, yes, you have to make a decision. It's
7 either in the field or it isn't. It can't be
8 both.

9 Q Is there any surgical contraindication
10 to looking for the nerve to determine whether or
11 not it's in the field and, therefore, at risk of
12 being cut?

13 A Only if you don't know where it is, or
14 you're not satisfied that, you're not satisfied
15 that you can't say it's not in my field. In
16 other words, if you're satisfied that it's not in
17 your field, then there's no need to look for it.

18 Q Granted.

19 A But if you're not satisfied, then
20 you've got to either look for it or get yourself
21 satisfied that it's not in your field.

22 Q All right. Given Dr. Lorian's
23 testimony that he was able to see the nerve once
24 it was cut, and that he was able to attempt a
25 repair with a 4-0 suture, which I understand is

Bonestead - direct

1 not a particularly fine suture, and given
2 Dr. Miller's record, is there anything in the
3 documents that you've reviewed, the records that
4 you've reviewed, to suggest that this, that the
5 radial nerve branch in this case that was cut was
6 particularly difficult to see?

7 MR. LAVERGHETTA: Objection to the
8 form.

9 A Well, particularly difficult to see.
10 You know, seeing at the end of the case after all
11 the tissues have been opened and retracted is
12 different from seeing it as you proceed with the
13 dissection. It's easier to see later after
14 you've opened everything up, retracted everything
15 back, there's more exposure. But there's nothing
16 to indicate that this particular nerve was, shall
17 we say, unusual or aberrant. No, I don't find
18 that.

19 Q Nothing to suggest that it was more
20 fine or narrow than the usual nerve in that area?

21 A No, I've seen no testimony from anyone
22 that said that that was the case.

23 Q Okay. Have you seen anything in
24 testimony or in the records that would document
25 that Dr. Lorianio attempted to identify and

Bonestead - direct

1 isolate the radial nerve branch in this case?

2 A I have, yes.

3 Q All right. What was that?

4 A Well, in his operative report he's
5 clearly aware that the superficial branch is a
6 structure that's at risk in this particular
7 procedure, because he says, he outlines the
8 course of the superficial radial nerve prior to
9 the procedure. So to do that prior to the
10 procedure indicates with certainty that he
11 understands that this nerve is at risk, and it
12 has to be avoided or identified, whatever the
13 situation might be.

14 He then makes a straight incision,
15 which is what we call in the axis of the nerve.
16 And that lessens the chance of lacerating the
17 nerve, because you're cutting across its length
18 as opposed to across it. So that indicates to me

19 that he has awareness of this nerve, and that
20 he's doing things to avoid injury.

21 Q From you're reading of the record, was
22 this nerve in the operative field?

23 A I believe that eventually it was in the
24 region of the operative field, yes.

25 Q Is there any evidence that the nerve

Bonestead - direct

1 was identified being mobilized and retracted?

2 A I don't see that, no.

3 MR. BERGE: I have no further

4 questions. Any questions?

5 MR. LAVERGHETTA: No.

6 (Whereupon the deposition concluded at

4:02

7 p.m.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 CERTIFICATE

2

3 I, SUSAN M. STYRON, Notary Public and

4 C.S.R. of the State of New Jersey, License No.

5 XI01704, do hereby certify that prior to the

6 commencement of the examination Richard Bonestead,

M.D.

7 was duly sworn by me to testify the truth, the

whole

8 truth and nothing but the truth.

9 I DO FURTHER CERTIFY that the foregoing

10 is a true and accurate transcript of the

11 testimony as taken stenographically by and before

12 me at the time, place and on the date herein

13 before set forth.

14 I DO FURTHER CERTIFY that I am neither

15 a relative nor employee nor attorney nor counsel

16 of any of the parties to this action, and that I

17 am neither a relative nor employee of such

18 attorney or counsel, and that I am not

19 financially interested in the action.

20

21

22

23 _____
Notary Public of the State of New Jersey
24 My Certificate expires January 25, 2009
Dated: January 5, 2007

25

